

UNITED STATES PATENT AND TRADEMARK OFFICE

BEFORE THE PATENT TRIAL AND APPEAL BOARD

THE BROAD INSTITUTE, INC., MASSACHUSETTS
INSTITUTE OF TECHNOLOGY, and PRESIDENT AND
FELLOWS OF HARVARD COLLEGE,
(Patents 8,697,359; 8,771,945; 8,795,965; 8,865,406; 8,871,445;
8,889,356; 8,895,308; 8,906,616; 8,932,814; 8,945,839;
8,993,233; 8,999,641 and Application 14/704,551),

Junior Party,

v.

THE REGENTS OF THE UNIVERSITY OF CALIFORNIA,
UNIVERSITY OF VIENNA, and EMMANUELLE
CHARPENTIER,
(Application 13/842/859)

Senior Party.

Patent Interference No. 106,048 (DK)

Held: December 6, 2016

BEFORE: RICHARD E. SCHAFER, SALLY GARDNER
LANE, DEBORAH KATZ, Administrative Patent Judges.

1 ON BEHALF OF THE JUNIOR PARTY:

2 STEVEN TRYBUS, ESQUIRE
3 Jenner & Block LLP
4 353 North Clark Street
5 Chicago, Illinois 60654-3456
6
7

8 ON BEHALF OF SENIOR PARTY:

9 TODD R. WALTERS, ESQUIRE
10 Buchanan Ingersoll & Rooney PC
11 1737 King Street
12 Suite 500
13 Alexandria, Virginia 22314-2727
14
15

16 The above-entitled matter came on for hearing on
17 Tuesday, December 6, 2016, commencing at 10:00 a.m., at the
18 U.S. Patent and Trademark Office, 600 Dulany Street,
19 Alexandria, Virginia.
20
21
22

23 P R O C E E D I N G S

24 - - - - -

25 JUDGE SCHAFER: Good morning. This is the oral
26 argument in Interference 106,048, The Broad Institute, et al.
27 versus The Regents of the University of California, et al. The
28 time for argument has been set for 20 minutes for each side.

29 Who will be arguing for Broad?

30 MR. TRYBUS: I will, Your Honor. Steven Trybus
31 from Jenner & Block on behalf of Broad.

32 JUDGE SCHAFER: How much time would you like to
33 reserve for rebuttal?

1 MR. TRYBUS: I'd like to reserve five minutes.

2 JUDGE SCHAFER: Five minutes?

3 Who will be arguing for The University of California?

4 MR. WALTERS: I will, Your Honor. Todd Walters
5 from Buchanan Ingersoll & Rooney.

6 JUDGE SCHAFER: How much time would you like to
7 reserve for rebuttal?

8 MR. WALTERS: I'd like to reserve three minutes,
9 Your Honor.

10 JUDGE SCHAFER: Three minutes?

11 Do the parties have any demonstratives for us?

12 MR. TRYBUS: Yes, Your Honor.

13 MR. WALTERS: Yes, Your Honor.

14 JUDGE SCHAFER: If you could bring them up and if
15 you could give a set to the court reporter.

16 (Demonstratives were handed out.)

17 JUDGE SCHAFER: Okay. Mr. Trybus, if you'd like to
18 begin your argument.

19 MR. TRYBUS: Good morning, Your Honors.

20 I think there's no substantial dispute on our Motion 3.

21 The Junior Party is entitled to benefit because Example 1 meets

22 Count 1. And on our Motion 5, the briefing sets forth the reasons

23 why the claims at issue there should be de-designated.

24 I'm going to spend my time, unless Your Honors have
25 any questions, on our Motion 2 with regard to no

1 interference-in-fact and why it was not obvious to use CRISPR in
2 eukaryotic cells as of December 2012.

3 Now, as a bit of background, when the Broad scientists
4 began working in this field, their focus was on gene editing in
5 mammalian cells. So not surprisingly the claims in the Broad
6 patents and application at issue have limitation to use in
7 eukaryotic cells.

8 Now, Senior Party provoked this interference. They
9 had presented over 3,000 claims to the Office, including claims
10 that had eukaryotic limitations and including copying our claims,
11 but they chose to elect the group of claims that they did, none of
12 which have a eukaryotic limitation for this interference. So if
13 there is an interference-in-fact, Your Honors, it's reasonable that
14 the count contained eukaryotic limitations.

15 Now, on the no interference-in-fact point, the key issue
16 that has developed through the briefing is whether the use of
17 CRISPR in eukaryotic cells was obvious after the Jinek 2012
18 article published as Senior Party alleges.

19 And the reason that this is the issue that has come is that
20 the parties agree that prior to the Jinek 2012 article, there was no
21 reasonable expectation of success. I'd refer Your Honors to slide
22 30 in our materials.

23 JUDGE SCHAFER: What was the name of the article
24 again?

25 MR. TRYBUS: Jinek 2012 article.

1 JUDGE SCHAFER: Yeah, the Jinek 2012 article. Is
2 that essentially coextensive with the claims with University of
3 California's claims?

4 MR. TRYBUS: The Jinek 2012 I recall, Your Honor,
5 sometimes it gets used as a proxy for some of the provisional
6 applications. The Jinek 2012 article has disclosures that are
7 somewhat like P2. The P1, their first provisional, is a -- is
8 somewhat different and -- okay. That was not what I wanted to
9 project. Sorry about that.

10 I apologize, did I answer Your Honor's question?

11 JUDGE SCHAFER: No, I'm not sure. Because for no
12 interference-in-fact or interference-in-fact, we're comparing
13 claims in the nature of double patenting type of thing, so that's
14 why I want to know if the Jinek art was, in fact, essentially the
15 same as the University of California's claims, which is we're
16 starting out as presumed prior art.

17 MR. TRYBUS: Right. So, Your Honor, with regard to
18 that, the Jinek 2012 article like their claims do not -- does not
19 have information about eukaryotic cells. It has information about
20 in vitro experiments. So in that sense it is an appropriate vehicle
21 in terms of talking about what would or wouldn't have been
22 obvious over their claims.

23 Because, Your Honor, so prior to Jinek 2012, one of
24 their two duplicative experts, Dr. Greider -- and this is at slide 30.
25 It's in the record at Exhibit 2013 at page 79 of the deposition. She

1 was asked the question, prior to the disclosure of Jinek 2012, was
2 there a reasonable expectation of success? And her answer was,
3 without knowing what the essential components are, and Senior
4 Party's position is that Jinek 2012 discloses those essential
5 components, that there was no expectation of success.

6 Now, Senior Party asserts really that Jinek 2012,
7 though, changed everything and that essentially everything in this
8 field, certainly their argument is that the eukaryotic cells -- work
9 in the eukaryotic cells would have been obvious after the Jinek
10 2012 argument or, sorry, article published and they really make
11 two points in support of that.

12 They say, first of all, that as a matter of scientific fact
13 essentially that it was required for that information and it was
14 required for someone to be able to do in eukaryotic cells and they
15 also talk about work by other laboratories that came after the
16 Jinek 2012 article.

17 Now, they say that the Jinek 2012 article was
18 specifically significant, and this is from their declarations,
19 because the tracrRNA, the CRISPR RNA and Cas9 form a
20 complex which can cleave DNA outside of eukaryotic cells. And
21 they go so far as to say that even our work depended entirely --
22 and that quote depended entirely is from pages 13 and 14 of their
23 opposition on the Jinek 2012 and this simply isn't true.

24 Now, Senior Party had put together as one of its
25 exhibits a timeline to try to prove this and they started with that

Patent 8,697,359, et al., Application 14/704,551 and 13/842/859
Patent Interference 106,048 (DK)

1 timeline with Jinek 2012. We've modified the timeline a little bit
2 and I've put it up on the board here. It's Exhibit 2313. It's also
3 slide 41 in your materials, Your Honors.

4 And what the record evidence shows is that Dr. Zhang
5 had already -- and Dr. Zhang is the lead inventor for The Broad,
6 but he had already started working on this prior to the time of
7 Jinek 2012. So in early 2011 he had an information disclosure
8 statement where he disclosed that. That's in the record along with
9 Paper 53, our priority statement.

10 And he had an NIH grant that he submitted in early
11 2012 prior to Jinek 2012 in which he described the use of a
12 CRISPR-Cas9 complex with Cas9, the crRNA and the tracrRNA
13 for use with eukaryotic cells and that successful work on that was
14 ultimately reported in the Cong, et al. article, which is the last
15 part on the board published in January 3rd, 2013, which was the
16 point at which a person of ordinary skill in the art really was able
17 to have a reasonable expectation of success.

18 Let me just point out very quickly two pieces of
19 evidence that we didn't put on the board, but there are other things
20 that precede Jinek 2012. For example, in March 2012 another
21 group, Vilnius University, and their patent application is in the
22 record at 1479, Exhibit 1479, they filed an application reporting
23 DNA cleavage using the complex in test tubes much like the
24 work of Senior Party here.

1 And as far back as 2010 in the Garneau article, which is
2 Exhibit 1153, there was a showing that Cas9 was an RNA-guided
3 protein that cleaved DNA. So we submit, Your Honors, that it
4 was not necessary for the Jinek 2012 disclosure in order to work
5 in eukaryotic cells.

6 The second piece that Senior Party asserts that Jinek
7 2012 supposedly has relevance is the fact that there were several
8 groups that after the Jinek 2012 argument conducted work in
9 eukaryotic cells and those are on the board here, but we need to
10 remember that the issue -- and Your Honor's question got to this
11 in part is the question is whether our claims were obvious over
12 their claims and the other pieces of prior art to the person of
13 ordinary skill in the art based on what was known in the art.

14 Now, the articles here from these labs we cover this
15 extensively in our brief, so I'm not going to go through it in that
16 much detail, but these are all people of extraordinary skill. These
17 are not people of ordinary skill.

18 And the other fact is that these groups did not use the
19 molecules and -- the molecules in Jinek 2012 and conventional
20 techniques. Rather, the Mali group and the Hwang group, they
21 did not use molecules from Jinek 2012. They used the molecule
22 that was their own and the Cho group, which is set forth there,
23 used nonconventional means to drive the system in a way to find
24 any hint of cleavage in eukaryotic cells.

1 And these groups certainly did not think that they were
2 doing something that was just an obvious extension of the Jinek
3 2012 article because they all published in major journals and they
4 all filed -- many of them filed for patent applications.

5 Now, we do have I think very good objective
6 contemporaneous evidence, Your Honors, of what the state of the
7 art was in 2012 and this is in the slides and I also have a board
8 that summarizes three of the slides here. These are comments
9 that were made contemporaneously or about the 2012 materials.

10 So I'd first refer to what's on the top of this board. It's
11 also in the demonstratives at slide 34. It's a quote from Exhibit
12 2207 at 3 and it's an interview with Dr. Doudna, one of Senior
13 Party's lead inventor, talked about -- and she was talking about
14 the Jinek 2012 article and she said there was a problem. We
15 weren't sure if the CRISPR/Cas9 would work in eukaryotes, plant
16 and animal cells.

17 And she goes on to say in that quote why that is and the
18 fact of the matter is because what she talks about is the difference
19 in the nucleus because the interest wasn't getting into the nucleus
20 of the eukaryotic cells and obviously the prokaryotic system does
21 not have that issue.

22 Now, the next quote on the board, this is also slide 37 in
23 your materials, this is from Exhibit 2230 at page 3. Dr. Doudna
24 here is talking again about these issues, realized that it would be a
25 profound discovery if you could get a powerful gene therapy

1 technique to work in human cells. So there was motivation. But
2 despite that motivation, Dr. Doudna in this quote shows that she
3 experienced many frustrations trying to get it into human cells.

4 Now, we actually know that the work that Senior Party
5 did, the initial work, they obviously had the material that was in
6 Jinek 2012 before the public did because they did that work. As a
7 matter of fact, I think they would say they had that work prior to
8 the time they filed their first provisional in May of 2012, but we
9 know from their priority statement that they did not -- they don't
10 contend that they actually got this to work in eukaryotic cells
11 until many, many months later in October 2012.

12 So despite their superior knowledge, their skill and the
13 motivation, even Senior Party was not able to make this work
14 very easily. This is the antithesis of something that would have
15 been obvious.

16 JUDGE KATZ: So let me ask sort of as a follow-on to
17 Judge Schafer's question, on the -- California's claims, UC's
18 claims cover sort of arguably three or four different
19 environments. There's a generic claim.

20 MR. TRYBUS: They are environment free is the way I
21 often refer to it, yes, or generic to environment. They could cover
22 use in prokaryotic cells or eukaryotic cells or in vitro use, yes,
23 Your Honor.

24 JUDGE KATZ: But that's a limited set of possible
25 environments. It's not like it's completely unlimited. So why --

1 what -- how do you argue that it wouldn't be obvious just to try
2 each one of those and if it works, which it did, where is the
3 nonobviousness?

4 MR. TRYBUS: Well, Your Honor, I think that there
5 was motivation to try, but I don't think it would have been
6 obvious to try because there was no reasonable expectation of
7 success with regard to this. What the record shows in this
8 evidence including, for example, these quotes including the quote
9 that I was going to get to from Jinek 2013 where they talk about
10 the fact that it was not known whether such a bacterial system
11 could be moved into eukaryotic cells. So the question really was
12 that. Obviously the system in its natural environment was in
13 prokaryotic cells
14 and there was a question of this.

15 And in Dr. Simons' declaration on our behalf, he laid
16 out a number of different things. And as a matter of fact, one of
17 the other issues that comes up is also their expert, Dr. Carroll, at
18 the same time, and this is in Exhibit 1152. He wrote an article at
19 the time and he talked -- he said that only attempts to apply the
20 system will address the concerns of whether this can be done or
21 not.

22 So on the record here what we have is we have a
23 number of pieces of evidence with regard to how easy it was.
24 Senior Party, as a matter of fact, makes an assertion that most
25 prokaryotic

1 proteins could be moved into eukaryotic cells, but I think the
2 record belies that contention.

3 Because the examples that they put forward, Your
4 Honors, they only put forward four examples of proteins and they
5 were protein-only examples and they're very different than the
6 CRISPR-Cas system, which of course is a combination of a
7 protein and an RNA. I think that there is much more relevant
8 evidence with regard to what the person of ordinary skill in the
9 art would have been and I point to two things.

10 The first of which that I would point to is work that had
11 been done years earlier on CRISPR-Cas by Drs. Sontheimer and
12 Marraffini. Their work is shown in Exhibit 1161. And they had
13 made sort of similar statements of the wish or hope or plan of
14 putting things in eukaryotic cells and they were never able to do
15 so. There was never any indication that they were successful at
16 all.

17 And the person of ordinary skill in the art would have
18 been aware of that prior idea that this would be a good thing to do
19 and would be something that one would try to do, yet it was an
20 utter failure and the person of ordinary skill in the art would have
21 that in mind.

22 Another thing that the person of ordinary skill in the art
23 would have in mind is the work with Group II introns or
24 targatrons, which also has a protein component and an RNA
25 component. So I believe it's more analogous to what is at issue

1 here than the protein-only examples that Senior Party used. And
2 what -- I'm sorry, Your Honor.

3 JUDGE KATZ: So the work of Sontheimer was with a
4 different CRISPR system.

5 MR. TRYBUS: It was.

6 JUDGE KATZ: And how is that -- that's also a
7 three-component system, there was a protein and RNAs or how
8 does that -- it's a Type III system I think?

9 MR. TRYBUS: Your Honor, I know I don't have much
10 time so I'm not trying to blunt your question, but without going
11 through the details of the fact that there are differences between
12 the different types of CRISPR systems, I still think that the
13 person of ordinary skill in the art would have had in mind the idea
14 that Sontheimer and Marraffini had said that they were going to
15 move CRISPR systems or were going to try to move CRISPR
16 systems into eukaryotic cells and were not able to do so.

17 And likewise with regard to the Group II introns or
18 targatrons as they're called, what we saw is that after decades of
19 research in this, and again a person of ordinary skill in the art
20 would understand this and would have known this, these were not
21 moved into eukaryotic cells to any level whatsoever.

22 Senior Party -- one of Senior Party's experts, Dr.
23 Carroll, in one of the quotes that we have in the briefs and in our
24 demonstratives said that it was inefficient and one of our experts,
25 Dr. Breaker, said that it was, in fact, an utter failure, that persons

1 of ordinary skill in the art would have taken that as an utter
2 failure.

3 And, Your Honors, I think the other piece that comes to
4 be with this is that it was certainly very appropriate I think that
5 the persons of ordinary skill in the art had the skepticism because
6 these more relevant pieces provided the skepticism and what later
7 developments show is that that skepticism was well deserved.

8 Because if what was able to be shown later, and this is
9 Exhibit 2233, the Ran article, shows six Type-II Cas9 ortholog
10 systems, all of which work in vitro and only one of which is able
11 to work in eukaryotic cells. So from a manner of science,
12 ultimately the answer is that not all of these systems work.

13 So a person of ordinary skill in the art had no reason
14 upfront to think that there was going to be an expectation of
15 success. We agree, we don't dispute and as a matter of fact we
16 would affirm -- we affirmatively use the fact there was a
17 tremendous motivation and that explains why a number of groups
18 might have been motivated to try to see whether they could get
19 this profound discovery, but that was the sort of thing that it was.

20 They were looking for a profound discovery. The
21 materials, the comments at the time belie this idea now in the
22 interference that this was all obvious. The Jinek 2012 turned the
23 tide in terms of things.

24 In fact, the other thing that has proven out is the article
25 is published on -- sorry, the board isn't up anymore. The article is

1 published on January 3rd, 2013 were when persons of ordinary
2 skill in the art started working in eukaryotic cells with the
3 CRISPR system.

4 And for Junior Party, Broad alone, there have now been
5 over 36,000 requests for reagents from Addgene and the Cong, et
6 al. article there has been cited over 2,000 times. That was the
7 point where with a showing that CRISPR Cas9 actually worked
8 in eukaryotic cells, a person of ordinary skill in the art was able to
9 take the system and work with it.

10 The Jinek 2012 article did not provide that kind of
11 change in the situation. It was -- there was no reasonable
12 expectation of success prior to Jinek 2012 and there was no
13 reasonable expectation after 2012 all the way through the date of
14 December 12, 2012, the filing of our provisional patent
15 application.

16 JUDGE SCHAFER: Okay. Thank you, Mr. Trybus.
17 We'll hear what Mr. Walters has to say.

18 MR. TRYBUS: Thank you.

19 JUDGE SCHAFER: We had some questions for you.
20 We'll give you your full five minutes.

21 MR. TRYBUS: Thank you, Your Honor.

22 MR. WALTERS: If you can give us a second to set up
23 here, please.

24 JUDGE SCHAFER: Yes, go ahead.

25 MR. WALTERS: I'm trying to get a signal here.

1 JUDGE SCHAFER: We'll wait until you're ready.

2 MR. WALTERS: Thank you.

3 We'll get started. Thank you.

4 Your Honors, today UC intends to focus on its Motion 3
5 and Motion to Substitute the Count. In the process we hope to
6 explain why you should deny Junior Party's Motion of No
7 Interference-in-fact and why you should grant UC's Motion for
8 Benefit.

9 Just very quickly, as to Sontheimer, Sontheimer never
10 did any in vitro experiments to identify the necessary and
11 sufficient components. Sontheimer was a situation where it was a
12 research plan. He didn't have the full set of components
13 necessary. That's why it didn't work for Sontheimer. We are
14 different here.

15 JUDGE KATZ: Mr. Walters, did it ever -- he was using
16 the Type III system; is that correct?

17 MR. WALTERS: He was using the Type III system. It
18 was not the Type-II CRISPR-Cas system.

19 JUDGE KATZ: Right. Does the Type III system work
20 in eukaryotes?

21 MR. WALTERS: No one has ever identified the
22 necessary and sufficient components of that system.

23 JUDGE KATZ: So nobody has tried.

24 MR. WALTERS: No one has tried.

25 JUDGE KATZ: Okay.

1 MR. WALTERS: Your Honors, I'd like to refer to slide
2 4, please, and in slide 4 you will see a comparison of the counts.
3 And there are two major differences in Count 1 as compared to
4 Count 2 that I'm going to focus on today.

5 One is the eukaryotic cell limitation and the other is the
6 single-molecule limitation. And what we are saying is in this
7 interference going forward, the count should not have a limitation
8 to the method being in eukaryotic cells and what we're saying is
9 that the count should include the single molecule limitation.
10 That's why we presented Count II the way that we did.

11 I will first focus on why the count should not include
12 the eukaryotic environment limitation. First of all, there is no
13 dispute that all of Broad's claims are limited to the eukaryotic
14 environment limitation. There's also no dispute that none of UC's
15 claims include that limitation. There's no dispute that if there is
16 an interference-in-fact in this case, then performance of the
17 method in eukaryotes would be obvious in view of UC's claims.

18 The reason for that is the test for an interference-in-fact
19 is a two-way test. If there is an interference-in-fact here, then
20 Broad's claims would be unpatentable in view of UC's claims and
21 none of UC's claims have an environment to eukaryotic
22 limitation.

23 Moreover, there is no dispute that UC has proffered
24 proofs in this case that fall outside of Count I and Broad has made

1 no substantive argument that the proofs that we proffered do not
2 fall within proposed Count 2.

3 Thus, UC should not be able to prevent -- I'm sorry,
4 Broad should not be able to prevent UC from obtaining its claims
5 to its separately patentable invention based on generic proofs and
6 the eukaryotic limitation should not be included as well.

7 Now, why were you correct that the eukaryotic cell
8 limitation was obvious in view of the claims? The answer lies
9 with what was obvious to one skilled in the art once the necessary
10 and sufficient components of the system were known. And here
11 as you heard earlier, there was no dispute that the counts in UC's
12 claims describe the necessary and sufficient components of the
13 Type-II CRISPR-Cas DNA cleavage system, no dispute.

14 Objective evidence of what would happen once those
15 necessary and sufficient components is available in this record. If
16 we could go to slide 12, please.

17 In slide 12 you will see that Broad's own experts says
18 that Jinek 2012 was the first publication to disclose the necessary
19 and sufficient components for DNA cleavage.

20 Moreover, if we can go to slide 13, Broad's expert --
21 and you heard Mr. Trybus earlier say that there's no dispute that
22 there was motivation to move that system into eukaryotic cells,
23 no dispute on motivation.

24 If we can go to slide 13.

1 If you read Broad's specification and ask them how did
2 they do it, they used conventional techniques to move the
3 CRISPR-Cas system into eukaryotic cells. It says it over and
4 over and over again in their specification.

5 And if we could look at slide 18, I'm sorry, 19, you can
6 see that Broad's expert also said that use of NLSs and codon
7 optimization was conventional.

8 Slide 20. The use of promoters and vectors was
9 conventional.

10 Slide 21. The use of microinjection is conventional.

11 So at the relevant time when the necessary and
12 sufficient components were first disclosed, there was motivation
13 in a means to be able to get it into eukaryotic cells doing
14 conventional methods. The only thing left was to do it.

15 JUDGE LANE: Mr. Walters, if this was conventional,
16 then why did one of your own inventors talk about this being a
17 big problem, encountered frustrations? Can you explain that
18 difference there?

19 MR. WALTERS: Thank you for asking that question,
20 Your Honor.

21 If we could go to slide 47.

22 Broad has made much ado about statements from Dr.
23 Doudna and, indeed, Dr. Doudna has been interviewed hundreds
24 of times regarding the CRISPR technology. However, what
25 Broad does not do is point you to any statement where Dr.

1 Doudna, in fact, said she didn't believe it was going to work in
2 eukaryotic cells.

3 The frustrations that you mention, we don't know what
4 the context and the only quote is many frustrations. It may -- we
5 don't know the context of that and, moreover, the objective
6 evidence at the time if you look just a month earlier -- well,
7 actually let's stay on this slide -- she said at the time of Jinek 2012
8 in June of 2012 that it's a very real possibility to move it into
9 eukaryotic cells.

10 And a month before that, if we could go to the next
11 slide, UC's first provisional had already been filed where the
12 inventors, and Dr. Doudna is one of the inventors, specifically
13 said that you can move the system into eukaryotic cells.
14 Moreover, within six months of that, Dr. Doudna and others,
15 along with many other groups that didn't have the benefit of UC's
16 first provisional application, were able to successfully move the
17 system into eukaryotic cells.

18 So there is no statement in the record that she did not
19 believe that it was going to work in eukaryotic cells.

20 JUDGE KATZ: Are there any statements in the record
21 that anybody said they did believe it would work, something like
22 it would be likely to work?

23 MR. WALTERS: If we can go back to the previous
24 slide.

25 Right there Dr. Doudna says, it is now a real possibility.

1 JUDGE KATZ: Does that rise to the level of an
2 expectation of success, is a possibility an expectation that it will
3 work or is it just a question of whether it will work or not?

4 MR. WALTERS: I think it is an indication that she
5 believed that it would work, but you don't even have to rely on
6 these interpretations of statements. Let's look at what actually
7 happened after the publication of Jinek 2012.

8 If you look at the publication date, it was June 28 of
9 2012. There were one, two, three, four, five, six different groups
10 within six months who were able to successfully move the system
11 from an in vitro environment, as disclosed in Jinek 2012, into a
12 eukaryotic cell environment. And what did each of those groups
13 do?

14 If we go to slide 90.

15 Slide 90 is an interesting slide. It shows in the first
16 column where disclosures are in the UC first provisional
17 application and it goes through and says -- it shows you where
18 various portions of the method are disclosed in the application.
19 But if you look at each of these other groups, they did it in the
20 very same way that's described in the UC application, but they
21 didn't even have the benefit of the UC application to perform the
22 methods that way that shows that those skilled in the art, once
23 they had the necessary and sufficient components, all thought it
24 would work and performed the method using conventional
25 methods.

1 JUDGE KATZ: But doesn't that go more to that they
2 had a motivation to when they did it? Did Dr. Doudna -- I mean,
3 it seems like she had the information. Did she get it to work
4 immediately after she had the information in eukaryotic cells?

5 MR. WALTERS: So Dr. Doudna has a lab where she
6 does crystallography. She was not set up to do work in cells
7 immediately, but she did ultimately successfully perform the
8 method in eukaryotic cells because she published in early 2013 as
9 well.

10 JUDGE KATZ: So she didn't have a motivation to do it
11 at the time after she did -- after Jinek 2012 or after she had done
12 the experiment.

13 MR. WALTERS: She was absolutely motivated to do it
14 at the time. Everyone wanted to move the system into eukaryotic
15 cells. There's no dispute on motivation here. The question is --
16 I'm sorry, I interrupted.

17 JUDGE KATZ: Was she able to, though, immediately
18 after she determined the necessary components, was Dr. Doudna
19 able to have the system working in eukaryotic cells immediately
20 after her in vitro experiments?

21 MR. WALTERS: When she performed those
22 experiments in the six-month period after the publication of Jinek
23 2012, yes, she was able to successfully do that.

24 JUDGE KATZ: Within six months.

25 MR. WALTERS: Within six months, yes.

1 Your Honors, if you look at slide 20, please. I'm sorry,
2 21, please. 24 please, I apologize.

3 Now, Broad's expert on cross examination, Dr. Simons
4 said, no one does an experiment without a belief that it might
5 work under certain circumstances. In here what Broad wants you
6 to believe is that all of these groups went and performed this
7 experiment, all these experiments, a multitude of them, prepared
8 patent applications and manuscripts and none of them had a
9 reasonable expectation that the experiments were going to work.

10 JUDGE KATZ: But the flip side of that is, do you think
11 that anytime a scientist performs an experiment, is there an
12 expectation that meets the legal definition of a reasonable
13 expectation of success?

14 MR. WALTERS: I think when people perform
15 experiments, they have that expectation. If they had no --

16 JUDGE KATZ: But the legal definition.

17 MR. WALTERS: If they had no reasonable expectation
18 of success, they would modify the experiment in the first place.

19 JUDGE SCHAFER: Why would you do that, why
20 wouldn't you start with what people know? Why wouldn't you
21 start with, okay, we want to -- we have to get this stuff into the
22 cell nucleus and we have techniques for getting stuff into the
23 nucleus? So why wouldn't we try those first, hoping to get a
24 result? I can understand the hope. I don't know if I'm buying this
25 statement that anybody who doesn't experiment has a belief it will

1 work. They certainly have a hope that it will work. I believe
2 that.

3 MR. WALTERS: Well, here also the evidence of
4 record shows that other prokaryotic systems have been
5 successfully moved into eukaryotic cells and Broad has not
6 offered one single example that does not work in eukaryotic cells.

7 JUDGE KATZ: If we go back to the Sontheimer, the
8 Type III CRISPR-Cas, it seems that there would have been the
9 same motivation there. It was a similar type of system people
10 were looking at. In fact, Sontheimer wrote a prophetic
11 application because he wanted also to get a system that would
12 work in eukaryotic cells.

13 MR. WALTERS: But remember the difference with
14 Sontheimer is he never did the in vitro experiments to determine
15 what the necessary and sufficient components were of the
16 complex.

17 JUDGE KATZ: Or maybe they just weren't successful
18 and so he didn't put them in his application.

19 MR. WALTERS: You can look at the Sontheimer
20 application and see they never did those in vitro experiments to
21 show what the necessary and sufficient components were. They
22 don't disclose them in there. That's the problem with Sontheimer.

23 JUDGE KATZ: Okay.

24 MR. WALTERS: If we might go to the issue of the
25 single-molecule invention. Broad admits that all of UC's claims

1 are directed to the single-molecule invention. Broad admits that
2 it also has claims directed to the single-molecule invention. The
3 only question is how many of its claims are limited to the
4 single-molecule invention.

5 You should know -- if we could put up slide 51, please.

6 You should know that the single-molecule invention is
7 not -- is a unique format not found in nature, first of all.

8 Secondly, if we can look at slide 52, what we're talking
9 about with the single-molecule invention -- I'm putting a laser
10 pointer, oh, that does not work on the screen, but in slide 52 it's
11 the portion of the slide encircled in red that shows a covalent
12 bond between a first RNA molecule and a second RNA molecule.

13 JUDGE KATZ: Were such linkers known in the art
14 before? I think they were called -- they had a name tetra loops.
15 Is that --

16 MR. WALTERS: Well, there is a reference to hairpins.
17 You hear the term hairpins, but what was not known at the time
18 was if you modified -- and if you see -- if you look at the A
19 portion of this figure, you see a two-molecule system having two
20 separate RNA molecules.

21 What was not known at the time of the invention is if
22 you modify the three prime end of the top RNA molecule and you
23 modify the bottom five prime end of the bottom RNA molecule
24 referred to as the activator that you would still get Cas9 binding.
25 That was unpredictable at that time.

1 Because if you modified those ends -- and this was
2 known in the art. If you modify those ends, even slightly, even
3 slightly and as Dr. Breaker has said during cross examination,
4 just the orientation of the end can keep a protein from binding to
5 the RNA molecule.

6 Here there was no expectation that if you put a linker on
7 that you would have binding to the Cas9 in the first instance and
8 even if you had binding to the Cas9 that it would then cleave
9 DNA once the system was targeted to the DNA.

10 JUDGE KATZ: But there was an expectation that the
11 whole system would work in an entirely different environment.
12 That's your position, that there was an expectation that the system
13 would work in a eukaryotic environment, the entire system with a
14 protein and two RNAs, but that there was -- that there was an
15 expectation, but there was no expectation by making a small
16 modification to the two RNAs that then -- there was no
17 expectation that that would work.

18 MR. WALTERS: That is correct.

19 JUDGE KATZ: That's your position.

20 MR. WALTERS: That's correct, Your Honor, yes, and
21 that's because of looking at what the Cas9 is binding to doesn't
22 change going from an in vitro environment to a eukaryote
23 environment. But if you change the binding portion of the
24 molecules, then that has a significant impact on whether or not
25 the Cas9 will bind in the first place.

1 JUDGE KATZ: So was it known that just the binding
2 of the RNA to the protein would make the system functional or
3 were there other cellular environments or other things that had to
4 do with the system being functional? Are you sort of saying that
5 once the RNA bound to the protein, that was the only thing that
6 people of skill in the art would have wondered about whether the
7 system would work?

8 MR. WALTERS: Well, it has to -- the protein has to
9 bind to the RNA molecule and you have to be able to target that
10 complex to DNA. That's just hybridization. And then the Cas9
11 will cleave the DNA, just like it does in the bacterial
12 environment.

13 JUDGE KATZ: So how do you address the differences
14 in the DNA between a prokaryote and eukaryote?

15 MR. WALTERS: The differences in the DNA between
16 them?

17 JUDGE KATZ: Between -- there are differences.

18 MR. WALTERS: That's right. The guide sequence that
19 you would use in the system would be different with DNA in a
20 eukaryotic cell and you would change that guide sequence so that
21 it would hybridize to what you wanted to hybridize. That was
22 not --

23 JUDGE KATZ: Right, the DNA differences and how
24 the DNA is presented in a prokaryotic cell or in vitro for that
25 matter versus in a eukaryotic cell. I'm talking about chromatin

1 structure and things like that. How do you address those
2 differences?

3 MR. WALTERS: Not one of the other authors
4 indicated that chromatin was a problem. Chromatin never was
5 deemed to be a problem. It didn't prevent them from doing their
6 experiments. Nothing in the record says that chromatin was an
7 impediment. In fact, there are other articles where we point out
8 other proteins that was in the presence of chromatin and it didn't
9 prevent them from working as well.

10 Your Honors, because the single-molecule invention is
11 separately patentable, you should -- and because Broad has
12 claims directed to that invention, you should include that
13 limitation in the count as well.

14 Should you find that the single-molecule limitation does
15 not render the claims separately patentable, at a minimum you
16 should take out the eukaryotic limitation from the count. You
17 could do that in a number of ways. Remove the eukaryotic
18 limitation from the current count or you could do a McKelvey
19 (phonetic) count. But in any case, we shouldn't allow obvious
20 claims to go forward in this interference when there are proofs
21 that would render those claims obvious. Thank you.

22 JUDGE SCHAFER: Thank you. We had some
23 questions. We will give you your full rebuttal.

24 Mr. Trybus.

25 MR. TRYBUS: Thank you, Your Honors.

1 With regard to the question of the obviousness of the
2 work in the eukaryotic cells, I don't think that the sort of
3 expressions of possibility are anything like meeting the legal test
4 of reasonable expectation of success.

5 I think what we have here is the sort of situations that in
6 prior cases are being talked about as a thought to vary all the
7 parameters or try numerous possibilities where the prior art gives
8 only general guidance. None of these things were known and
9 there was only the barest of general guidance with regard to this.

10 And the fact that six months later the group succeeded
11 with regard to some of these things is not relevant to what a
12 person of ordinary skill in the art would have been thinking prior
13 to addressing that and they would have been thinking that the
14 differences between prokaryotic cells and eukaryotic cells
15 including, for example, the chromatin in the nucleus and the
16 tightly-wound nature of the DNA as well as a number of other
17 concerns that were set forth by Dr. Carroll and echoed by Dr.
18 Simons in his declaration. Those things all go to that.

19 With regard to one of Senior Party's arguments that they
20 continually talk about in the briefing and again today, talking
21 about that the -- that they were the first to identify the necessary
22 and sufficient components with regard to this, the sufficient
23 components had already been identified prior to that time, so
24 there was the ability.

1 For example, Dr. Zhang had the ability to do some
2 work. There was no need with regard to knowing exactly what
3 was necessary, but still the persons of ordinary skill in the art
4 would have focused on the fact that there wasn't any guidance as
5 to how to move this system into a eukaryotic cell.

6 There was a question for Mr. Walters with regard to the
7 question -- I'm moving now, sorry, to the single guide issue. And
8 from a technical perspective, the Breaker declaration, which is
9 Exhibit 2010, lays out the fact that the GAA tetra loop was
10 known in the prior art and so it was not at all surprising that one
11 could link two pieces of RNA together and that when linked
12 together that was able to be functional with regard to this.

13 And more to the point of the changing of the count here,
14 inclusion -- excuse me, inclusion of the single-molecule language
15 excludes all of Broad's early proofs and Senior Party realizes that
16 that that's the case because the prosecution record, the public
17 prosecution record of our patents shows that our early work was
18 not done with a single guide but was done with a dual-molecule
19 format.

20 When Your Honors authorized Senior Party to bring
21 one motion on changing the count, you warned Senior Party that
22 that count should be just and they didn't choose. They chose
23 among a number of different counts that they had suggested and
24 they chose a count that, instead of being fair to both parties, was
25 fundamentally unfair, unjust to Broad because it would eliminate

1 all of our earliest proofs because we did work in the -- excuse me,
2 with the dual guide rather than the single guide.

3 And the other point that Senior Party made with regard
4 to the count was that it excludes some of its work. But one of the
5 things that is clear actually from the evidence that they submitted
6 with regard to -- they submitted some parts of a notebook in
7 Exhibit 1507 that shows work with a single guide, but in those
8 pages, when you look at it, you realize that that's a replication.

9 Those pages refer back to dual guide work that was
10 done before. This is an experiment -- I think it's number 18 and it
11 refers back to a 17. And when you look at the information that's
12 there, it's clear it says at the bottom of the last page I think that it
13 mirrors the dual guide material that they were doing.

14 So they were working both in dual guide and single
15 guide. The only reason that Senior Party is suggesting the single
16 guide limitation I believe is to exclude our proofs, our earliest
17 proofs, because it was very well-known that that was not what
18 our earliest proofs were involving.

19 Unless Your Honors have further questions, I have
20 nothing further.

21 JUDGE SCHAFFER: Okay. Thank you, Mr. Trybus.

22 MR. WALTERS: Could I have slide 25 come up?

23 Your Honors, in Broad's Motion For No
24 Interference-in-Fact, the only date that they assert as the relevant
25 date is December 12 of 2012. At that time -- and they say that at

1 page 7, page 21 and in facts -- two facts on 82-2. In addition,
2 Broad's expert said that the date that's relevant is December 12 of
3 2012. That you can look at slide 9 in our demonstratives.

4 If you consider the 2012 date, December of 2012, and
5 you look at how many others had already been able to accomplish
6 this by that point, it's not even plausible that those skilled in the
7 art did not have a reasonable expectation of success nor that they
8 could not do it. There was no special sauce here.

9 If we could look at slide 90 again. If you look at slide
10 90, you'll note from UC's first provisional application that UC
11 disclosed the necessary and sufficient components. UC disclosed
12 the use of vectors and NLSs and promoters and all of those
13 conventional techniques. They also disclosed Chimera A. And
14 Chimera A was a chimera that allowed them to perform the
15 method in vitro, but then all these other groups without the
16 benefit of UC's provisional application described how to move it
17 into eukaryotic cells in the very same way. There was no special
18 sauce that was pulled out of any of these publications to say that
19 it made it work.

20 Now, what Broad has indicated is that no one used
21 Chimera A and the point to the guide sequence, that is what's
22 being targeted. Well, that has to change. What you target, you
23 change the guide sequence or that the targeter portion of the
24 molecule might have been a little longer in some cases. The
25 reason for that is the natural targeter is a little longer. It depends

1 on how much you want to truncate that, but Chimera A generally
2 was all the same. It's all the same. There's no special sauce here.

3 As to the GAA tetra loops, Broad offers that those tetra
4 loops were well-known. And if you look at the references that
5 they're relying upon, the tetra loops are used for crystallography
6 experiments. One doesn't want to make a crystal and use a crystal
7 in a eukaryotic cell for this purpose. Crystallography
8 experiments are different.

9 The other thing they talk about adding a tetra loop for is
10 to do an mfold analysis. Mfold has nothing to do with this
11 invention. Mfold allows you to determine the stability of a
12 molecule. And, oh, by the way, the stability analysis that was
13 done by Dr. Breaker, he admits he provides no explanation of
14 what he did. He didn't use the right cassette in the program. You
15 can see that testimony in his transcript.

16 But the use of those tetra loops for crystallography just
17 has nothing to do with what we're talking about here today.

18 JUDGE SCHAFER: Okay. Thank you.

19 MR. WALTERS: Thank you, Your Honors.

20 JUDGE SCHAFER: Okay. The case is submitted and
21 the arguments are complete. Thank you.

22 (Concluded at 10:54 a.m.)

23

24

Patent 8,697,359, et al., Application 14/704,551 and 13/842/859
Patent Interference 106,048 (DK)

cc (via e-mail):

Attorneys for Junior Party Broad Institute:

Steven R. Trybus
Harry J. Roper
JENNER & BLOCK LLP
strybus@jenner.com
hroper@jenner.com

Attorneys for Senior Party University of California, et al.:

Todd R. Walters
Erin M. Dunston
Travis W. Bliss
BUCHANAN, INGERSOLL & ROONEY PC
todd.walters@bipc.com
erin.dunston@bipc.com
travis.bliss@bipc.com

Li-Hsien Rin-Laures
Sandip H. Patel
Greta Noland
MARSHALL GERSTEIN & BORUN LLP
lrinlaures@marshallip.com
spatel@marshallip.com
gnoland@marshallip.com